

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

COMMONWEALTH OF PENNSYLVANIA
JOINT LEGISLATIVE AIR AND WATER POLLUTION
CONTROL AND CONSERVATION COMMITTEE

SENATE HEARING ROOM 8E-B
EAST WING
STATE CAPITOL BUILDING
HARRISBURG, PENNSYLVANIA

PUBLIC HEARING ON THE STATUS OF
PENNSYLVANIA'S ELECTRIC
COGENERATION INDUSTRY

MONDAY, FEBRUARY 3, 2020
9:00 A.M.

BEFORE:

- REPRESENTATIVE PARKE WENTLING, CHAIRMAN
- REPRESENTATIVE DONNA BULLOCK, SECRETARY
- REPRESENTATIVE BUD COOK
- REPRESENTATIVE JERRY KNOWLES
- REPRESENTATIVE STEPHEN MCCARTER
- REPRESENTATIVE CLINT OWLETT
- REPRESENTATIVE MEGHAN SCHROEDER
- SENATOR MARIA COLLETT
- SENATOR PATRICK J. STEFANO
- SENATOR SHARIF STREET
- SENATOR JUDY WARD

ALSO PRESENT:

- REPRESENTATIVE JAMES STRUZZI

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALSO PRESENT:

TONY M. GUERRIERI, EXECUTIVE DIRECTOR
COLEEN P. ENGVALL, RESEARCH ANALYST
SAKURA UNG, PROJECT MANAGER
DENISE M. PLUMMER, ADMINISTRATIVE OFFICER

BRENDA J. PARDUN, RPR
REPORTER - NOTARY PUBLIC

INDEX

1	NAME	PAGE
2		
3	OPENING REMARKS CHAIRMAN WENTLING	4
4		
5	PATRICK MCDONNELL SECRETARY DEPARTMENT OF ENVIRONMENTAL PROTECTION	8
6		
7	JARET GIBBONS EXECUTIVE DIRECTOR ARIPPA	40
8		
9	VINCE BRISINI DIRECTOR OF ENVIRONMENTAL AFFAIRS OLYMPUS POWER, LLC	62
10		
11	HEATHER SMILES DIVISION CHIEF FOR ENVIRONMENTAL SERVICES FISH AND BOAT COMMISSION	81
12		
13	MIKE NEROZZI DIRECTOR OF POLICY AND PLANNING FISH AND BOAT COMMISSION	90
14		
15	GLADYS BROWN DUTRIEUILLE CHAIRMAN PUBLIC UTILITY COMMISSION	94
16		
17		
18		
19		
20	SUBMITTED WRITTEN TESTIMONY	
21	* * *	
22	(See submitted written testimony and handouts	
23	online.)	
24		
25		

P R O C E E D I N G S

1
2 CHAIRMAN WENTLING: Good morning,
3 everybody. I'm state representative Parke
4 Wentling, chairman of the Joint Legislative
5 Air and Water Pollution Control and
6 Conservation Committee, better known as the
7 JLCC, the Joint Legislative Conservation
8 Committee.

9 I'd like to welcome everyone to the
10 public hearing concerning Pennsylvania's
11 cogeneration industry.

12 Can everyone hear me okay in the
13 back? Are we good?

14 Okay. Great.

15 Come on in here, Senator Stefano.

16 For generations, mountains of waste
17 coal, black piles that leach acidic water into
18 already polluted streams, were a fact of life
19 in former mining towns.

20 During the past three decades,
21 Pennsylvania's cogeneration industry has put a
22 dent in the environmental disaster by chewing
23 through millions of tons of mine waste that
24 previous -- that had previously been
25 discarded. In fact, I will just note that

1 that's how the JLCC got started over fifty
2 years ago in regards to dealing with acid mine
3 drainage.

4 The industry has played a major role
5 in Pennsylvania and, as such, generates much
6 discussion on a wide variety of issues.

7 Today's hearing, we'd like to focus
8 primarily on two of those issues. The first
9 is economics. We will hear testimony today
10 regarding economic impact of a carbon
11 cap-and-trade program on cogeneration. And
12 that impact is diverse. Those who oversee
13 those plans now are wondering whether that
14 thirty years of progress will grind to a halt
15 if a new air emission rule takes effect.
16 While regulators contend the standards are
17 overdue, operators argue that new rules will
18 shutter plants, siphon power from a stressed
19 grid, and dissolve hundreds of local jobs.

20 We hope to learn more about -- we
21 hope to learn more about topics like these.

22 A second focus today is the
23 environment and the impact of the industry on
24 the environment. Again this is a broad and
25 diverse field. Cogeneration plants that burn

1 waste coal are taking care of an environmental
2 hazard. The mountains of mine waste are
3 unsightly and contribute to acid mine
4 drainage. Testimony today is to provide an
5 overview of topics that have already -- that
6 are already out there and may arise in the
7 future.

8 We do have a distinguished panel of
9 speakers that I'm sure will cover that ground
10 and other areas as well. And we are anxious
11 to join in a learning experience that they can
12 provide.

13 I'd like to take a few moments to
14 allow other members of our committee to
15 introduce themselves, followed by some of the
16 other members of the House and Senate who are
17 joining us today.

18 So, if we could -- would you like
19 to -- we would like to go ahead and allow the
20 members to go through and introduce
21 themselves. Thank you.

22 REPRESENTATIVE BULLOCK: Thank you,
23 Chairman.

24 State Representative Donna Bullock.
25 I represent parts of north and west

1 Philadelphia.

2 MR. GUERRIERI: Tony Guerrieri. I am
3 the executive director of the Joint
4 Legislative Conservation Committee.

5 REPRESENTATIVE COOK: Good morning.
6 I represent parts of Fayette and Washington
7 County, the Mon Valley, State Representative
8 Bud Cook.

9 REPRESENTATIVE SCHROEDER: State
10 Representative Meghan Schroeder, the 29th
11 Legislative District in Bucks County.

12 REPRESENTATIVE KNOWLES: Yes, I'm
13 Gerry Knowles. I represent portions of Berks,
14 Schuylkill, Carbon counties, the 124th
15 Legislative District.

16 SENATOR STEFANO: Good morning.
17 Senate Pet Stefano. I represent the 32nd
18 District, which is Fayette, Somerset, and
19 parts of Westmoreland.

20 REPRESENTATIVE STRUZZI: Good
21 morning. Jim Struzzi, 62nd Legislative
22 District, Indiana County.

23 REPRESENTATIVE MCCARTER: Good
24 morning, everyone. I'm Representative Steve
25 McCarter, representing the 154th District, in

1 eastern Montgomery County.

2 MS. ENGVALL: I'm Coleen Engvall.
3 I'm the research analyst for the JLCC.

4 CHAIRMAN WENTLING: In terms of
5 housekeeping, I remind our witnesses and
6 legislative members that we do have a hearing
7 stenographer present to record the hearing
8 proceedings. Please introduce yourself before
9 you speak and please speak loudly and clearly.

10 We are now ready to begin today's
11 testimony. Our first speaker is Mr. Patrick
12 O'Donnell -- McDonnell -- I hope I got that
13 right -- the secretary of the Department of
14 Environmental Protection.

15 Mr. McDonnell, welcome.

16 And before we start, if you'd like to
17 introduce yourself, Senator.

18 SENATOR STREET: Senator Sharif
19 Street.

20 CHAIRMAN WENTLING: Very good,
21 Mr. Secretary, the floor is yours.

22 SECRETARY MCDONNELL: I just want to
23 thank you. As you said, I'm Patrick
24 McDonnell, secretary of the Pennsylvania
25 Department of Environmental Protection.

1 Pleasure to be here with you this
2 morning. Thank you for hosting this, Chairman
3 Wentling and members of the committee and
4 members of the legislature. Look forward to
5 sharing the Department's experience with
6 cogeneration plants, specifically around the
7 waste coal industry, and highlight the role of
8 this sector in terms of the Regional
9 Greenhouse Gas Initiative that you referenced
10 in your opening comments.

11 I will explain how, in a draft
12 proposed regulation, really a framework that
13 we released last week in advance of our air
14 quality technical advisory committee, we
15 created a set-aside program for Pennsylvania's
16 waste coal generation sector. That regulation
17 would establish, as you said, a cap on carbon
18 dioxide emissions from fossil fuel-fired power
19 plants in Pennsylvania.

20 I will also outline some of the
21 legacy environmental issues that, again, you
22 summarized, like mining, and highlight why
23 it's vital not to leave additional
24 environmental issues, like climate change, to
25 future generations to solve.

1 In terms of that legacy, during past
2 mining operations, water was used to clean and
3 sort coal, and streams were used for
4 transportation via a canal system.
5 Unfortunately, what remains of these past
6 mining operations is expansive piles of
7 discarded coal refuse, often adjacent to and
8 leaching into waterways.

9 The material in these piles is often
10 mobilized during storm events or extreme flow
11 conditions, resulting in significant impacts
12 on water and air quality. Many of the coal
13 refuse piles have been devoid of vegetation
14 for decades, further leaving materials
15 susceptible to erosion. Furthermore, this
16 material has been discarded in large piles,
17 sometimes hundreds of feet in height,
18 sometimes, as you see in Hazleton, the roads
19 literally go around these piles, creating
20 additional safety concerns related to their
21 unstable, combustible nature.

22 Due to technology advancements, the
23 waste coal in these piles, although a lower
24 energy value resource, can be combusted to
25 produce electricity. By harnessing this

1 technology, the waste coal generation industry
2 has reduced the size, number, and impacts of
3 these piles. Not only has the sector
4 identified a beneficial use for this waste,
5 they also beneficially use the combustion
6 residual of the coal ash for use in reclaiming
7 lands, especially our mine lands, and often
8 creating land with economic value now suitable
9 for redevelopment.

10 Since 1988, a total of 160.7 million
11 tons of waste coal has been removed and burned
12 in cogeneration plants to generate
13 electricity, with an additional 200 million
14 tons of coal ash beneficially used at these
15 mine sites.

16 Federal programs exist to address
17 these legacy mining issues; however, abandoned
18 piles and silt dams are often a lower
19 priority. As a result, waste coal operations
20 and associated generation operations have been
21 one of the most substantial watershed cleanup
22 efforts of the past thirty years, and this
23 sector continues to play a critical role in
24 terms of pollution prevention, environmental
25 cleanup, and land reclamation in the

1 Commonwealth that would otherwise remain for
2 future generations.

3 Of Pennsylvania's over 13,000 acres
4 of coal piles cataloged by the Department,
5 3700 acres, or 71 million cubic yards, have
6 been reclaimed, with roughly 9,000 acres, or
7 202 million cubic yards, remaining.

8 Despite these successes in
9 Pennsylvania, the coal piles in Pennsylvania's
10 anthracite region remain one of the largest
11 sources of nonpoint pollution in the region.
12 Thousands of tons of coal waste and coal silt
13 remain near streams or along stream banks that
14 line Pennsylvania's extensive network of
15 streams and rivers.

16 Additionally, of the piles that
17 remain, approximately forty have ignited and
18 continually burn, significantly impacting
19 local air quality and releasing significant
20 amounts of carbon dioxide and other
21 pollutants. There is clearly more work to be
22 done.

23 On climate change, as the governor
24 said, it is the most critical environmental
25 threat facing the Commonwealth as well as the

1 world. Right here in Pennsylvania, it has led
2 to more flooding, which, when taken in
3 connection with these piles, results in not
4 just air but water quality impacts, more heat
5 and respiratory illnesses, more vector-borne
6 diseases and pests, and more disruptions to
7 agricultural systems.

8 Since 1900, Pennsylvania has warmed
9 1.8 degrees Fahrenheit. Annual precipitation
10 has increased 10 percent on average, with some
11 areas seeing a 20 percent increase over that
12 same time period. From 1958 through 2010, the
13 northeast United States saw more than a 70
14 percent increase in the amount of
15 precipitation falling during heavy rainfall
16 events.

17 These impacts are vast, and what was
18 predicted ten years ago is being confirmed
19 today. Projections are even more dire. By
20 2050, Pennsylvania's expected to warm by 5.4
21 degrees Fahrenheit. The Pennsylvania that we
22 know will not be the same Pennsylvania that
23 our children or our grandchildren will know.
24 By the middle of the century, Philadelphia
25 will feel like Richmond, Pittsburgh will feel

1 like Washington, D.C.

2 Precipitation patterns will also be
3 increased by another 8 percent by 2050, with a
4 winter precipitation increase of 14 percent.

5 We know that climate change impacts
6 are being caused by emissions, specifically of
7 carbon dioxide and methane primarily. There
8 is overwhelming scientific evidence that these
9 greenhouse gas emissions are causing climate
10 change, with modeling and prediction of
11 impacts improving rapidly.

12 As one of the top greenhouse gas-
13 emitting states in the country, Pennsylvania
14 has an obligation to take action to reduce
15 greenhouse gas emissions. In October of 2019,
16 Governor Wolf tasked the department in
17 designing a CO₂ trading program for
18 Pennsylvania that aligns with the Regional
19 Greenhouse Gas Initiative, while accounting
20 for the unique environmental energy and
21 economic intricacies of Pennsylvania. This
22 program is being designed to reduce emissions
23 of CO₂ in a manner that is protective of
24 public health, welfare, and the environment,
25 and is economically efficient.

1 I'll talk a little about the
2 greenhouse gas initiative itself. It's a
3 cooperative effort of ten New England and
4 Mid-Atlantic states to reduce greenhouse gas
5 emissions from the power sector. RGGI, as
6 it's informally called, is a regional
7 cap-and-invest program, involving carbon
8 dioxide-emitting power plants. Participating
9 states are Connecticut, Delaware, Maine,
10 Maryland, Massachusetts, New Hampshire, New
11 Jersey, New York, Rhode Island, and Vermont.

12 These states have set individual
13 state caps on the total amount of CO₂
14 emitted -- excuse me -- from power plants in
15 their states.

16 What is referred to as the RGGI
17 "regional cap" or "the cap" is those state
18 caps added together. In order to show
19 compliance with the cap, power plants must
20 purchase a credit or allowance for each ton of
21 CO₂ emitted. These purchases are made at
22 quarterly auctions conducted by RGGI, Inc.,
23 and in secondary markets. RGGI, Inc., is a
24 nonprofit corporation providing administrative
25 and technical support to participating states.

1 It's referred to -- RGGI is referred
2 to as a cap-and-invest program, as the
3 proceeds from auctions are allocated back to
4 the participating states in proportion to the
5 amount of CO₂ subject to regulation in each
6 state. These states then uses these proceeds
7 to make investments in programs that further
8 reduce greenhouse gas emissions.

9 Thus RGGI has a two-pronged approach:
10 reducing CO₂ emissions through the cap as well
11 as investments in energy efficiency, renewable
12 energy, and greenhouse gas abatement.

13 The first step which we've started on
14 is designing a regulation that serves as a
15 basis for our trading program. We're working
16 to develop that regulation and to make sure it
17 adheres to the RGGI model rule. That model
18 rule is a template that all of the states that
19 participate within RGGI follow, laying out
20 exactly what the cap would be, where the
21 allowances are, and any set-asides, et cetera.

22 I can give you an example of how this
23 works in practice. Each fossil fuel power
24 plant that has a capacity of at least 25
25 megawatts or greater and sends more than 10

1 percent of its annual gross generation to the
2 grid would be subject to the rule. Each
3 qualifying power plant would then have to
4 purchase an offset or allowance for each ton
5 of carbon emitted on annual basis. At the end
6 of each year, each power plant would retire
7 allowances to cover their compliance
8 obligation. And then, over time, that cap
9 reduces. Right now it is reducing in the
10 other states by about 3 percent a year.

11 At DEP, however, we're providing
12 additional flexibility in the rule for
13 cogeneration plants that are interconnected
14 with and supply manufacturing facilities, for
15 example. If you have a Combined Heat and
16 Power plant and you're producing electricity
17 and providing useful thermal energy, we are
18 proposing that, if those cogen plants supply
19 less than 15 percent, instead of 10 percent,
20 of their annual total usable energy to any
21 entity, they do not have compliance
22 obligations. Additionally, fossil fuel power
23 plants that have a capacity of 25 megawatts or
24 greater and supply less than 10 percent of its
25 annual gross generation to any entity would

1 also not have those obligations.

2 Part of what makes the program
3 economically efficient is the fact that it's a
4 regional program, which allows qualifying
5 power plants to achieve least-cost compliance
6 by buying and selling allowances in the
7 primary or secondary markets across all of the
8 states.

9 RGGI allowances are fungible, meaning
10 that though Pennsylvania has an established
11 allowance amount for a each year, PA
12 allowances are available to meet the
13 compliance obligations in any other state or
14 vice versa. Therefore, emissions from the
15 Pennsylvania power sector are not strictly
16 limited to the amount of PA allowances.
17 Though each state has an annual allocation,
18 compliance occurs at the regional level rather
19 than on a state-by-state basis. This
20 cooperation allows producers more flexibility
21 in terms of compliance and allows the market
22 to signal entrance or exit of generation.
23 In this respect, the market assists
24 in achieving least-cost compliance for all
25 participating states.

1 Each state also has the authority and
2 discretion as to how they treat the
3 allowances, which are memorialized in each
4 state's CO₂ budget trading program regulation.
5 Allocation of those allowances is just one
6 mechanism through which states further public
7 policy goals.

8 For example, each state must make
9 these allowances available. In addition to
10 states offering allowances for sale through
11 the auction, most RGGI states have also opted
12 to create set-aside programs. These states
13 specifically carve out or reserve a portion of
14 the state's allowances to assist certain
15 sectors with part or all of their RGGI
16 obligations or allow other sectors to monetize
17 the allowances for further investment.

18 In the draft regulation, DEP has
19 provided a set-aside option to assist
20 Pennsylvania's waste coal generation sector
21 with RGGI program compliance. While waste
22 coal facilities are not exempt from the
23 program, Pennsylvania DEP will oversee the
24 sector's compliance using allowances that have
25 been specifically carved out or set aside for

1 this purpose. In other words, waste coal
2 facilities will not incur significant
3 compliance costs as a result of Pennsylvania's
4 participation in RGGI as long as the emissions
5 from the waste coal generation sector do not
6 exceed the set-aside amount on an annual
7 basis.

8 At the beginning of each compliance
9 year, Pennsylvania DEP will set aside
10 allowances for the waste coal facilities,
11 eliminating the need for them to purchase
12 allowances in either primary auctions or
13 secondary markets. And then, at the end of
14 the year, Pennsylvania DEP will retire the
15 appropriate amount of CO₂ allowances for each
16 of these facilities. This set-aside, as
17 explained in the draft regulation, is equal to
18 7.9 million tons of coal -- of CO₂ emissions,
19 an amount which represents the waste coal
20 generation's total 2018 emissions.

21 As you will hear from other panelists
22 today, the waste coal sector has been
23 declining in Pennsylvania, as have their
24 resulting emissions. After reviewing the last
25 three years of CO₂ emission data, 2018 had the

1 highest associated emissions, an appropriate
2 level at which to set this amount.

3 This 7.9-ton set-aside is the yearly
4 amount held for the sector. On an annual
5 basis, if the combined emissions do not exceed
6 the 7.9 million tons, there will be no
7 allowance-related RGGI compliance costs for
8 these entities. If the sector exceeds the
9 7.9 million-ton set-aside, then individual
10 facilities will be responsible for procurement
11 of allowances needed above the set-aside
12 amount.

13 While the set-aside amount is the
14 firm cap for emission assistance, this
15 sector's emissions and generation are not
16 limited. Any CO₂ allowances beyond the
17 set-aside that may be required for compliance
18 reasons would be able to be procured from the
19 market. And any unused allowances from the
20 annual set-aside will be offered into the
21 market.

22 The department acknowledges the value
23 of cogeneration -- the cogeneration sector and
24 is proposing the set-aside program through
25 which waste coal-fired generation will not

1 incur additional costs as a result of
2 Pennsylvania's participation in RGGI, if the
3 emissions do not exceed the annual set-aside.

4 Additionally, the proposed regulation
5 includes greater flexibility for cogen plants
6 that are interconnected with manufacturing
7 facilities.

8 Finally, just a couple of other
9 programs that exist. A set-aside for the
10 waste coal generation sector is not the only
11 program. Under Pennsylvania's Alternative
12 Energy Portfolio Standards, waste coal is
13 identified as a Tier II alternative resource,
14 and qualifying facilities can receive credits
15 equal to the amount of electricity produced.

16 We have a number of things that
17 are -- in most states, it would be a renewable
18 portfolio standard. We have the Alternative
19 Energy Portfolio Standard, which includes
20 things outside of the renewable sector.

21 So, in 2008, waste coal accounted for 63.7
22 percent of Tier II credit obligations,
23 compliance obligations.

24 Additionally, as recently as 2016,
25 the legislature highlighted the importance of

1 this sector in the passage of Act 84, the Coal
2 Refuse Energy and Reclamation Tax Credit
3 Program, highlighting the significant and
4 tangible benefits to the environment from this
5 industry.

6 On the federal level, we've also --
7 Governor Wolf and the administration has also
8 expressed his support to the Mine Affected
9 Community Energy and Environment Act, which
10 would create a federal coal refuse tax credit
11 to help address the more than 220 million tons
12 of Pennsylvania coal refuse on 9,000 acres of
13 land.

14 I hope my testimony this morning has
15 clearly conveyed what RGGI participation means
16 for Pennsylvania and specifically the waste
17 coal sector. We look forward to continuing to
18 work with not just this community but the
19 legislature and other stakeholders as we
20 proceed down this process.

21 Available to take any questions you
22 may have. Thank you.

23 CHAIRMAN WENTLING: Thank you,
24 Mr. Secretary. We do have a couple questions.

25 The first member of the committee I'd

1 like to recognize is Mr. Knowles.

2 REPRESENTATIVE KNOWLES: Thank you,
3 Mr. Chairman.

4 And thank you, Mr. Secretary.
5 Certainly appreciate you being here.

6 As indicated when I introduced
7 myself, I come from Schuylkill County. I'm a
8 coal cracker. I am well aware of what -- when
9 you talk about years ago, I was born and
10 raised in Tamaqua, the entire community
11 surrounded by mines.

12 I can remember as a young boy when
13 you would look up at those mountains, you
14 would see what we refer to as silt, as coal
15 dust. It was horrible.

16 Over the course of the years, because
17 of cogen plants, we've been able to do a
18 considerable amount of cleanup. I'm very
19 proud to say that when you now drive in my
20 district and you look up on those mountains,
21 you see green. It's pretty. It's a great
22 area to live in and a great area to represent.

23 So, when we heard about RGGI, we were
24 pretty upset about it, because we had concerns
25 about how it would affect that, and -- because

1 they're struggling now. Some of them have
2 closed and others are struggling.

3 So, I certainly have great interest
4 when you talk about the set-asides. And I'm
5 just wondering, and I want to be certain that
6 the set-asides, are they enough to keep the
7 cogens in the anthracite region working so
8 that they can continue to clean up the
9 environment?

10 SECRETARY MCDONNELL: Yeah. So, the
11 way we attempted to design it was, for lack of
12 a better way of saying it, is to make sure
13 we're holding those plants harmless in terms
14 of RGGI itself. So, the set-aside is set at
15 7.9 million, which, as I said, we looked at
16 2017, 2018, 2019, and 7.9 represented the 2018
17 year, which was the highest emissions from the
18 plants that are operating today. So, it
19 should be sufficient for them to continue
20 operating at the levels they have been
21 operating. As I said in the testimony, if
22 there's expansion of operation or additional
23 operation, then it would purchase credits on
24 the market for that operation.

25 REPRESENTATIVE KNOWLES: Thank you

1 very much, and I can't impress upon you how
2 important it is that we do everything in our
3 power to continue to keep those cogen plants
4 going because there is still a lot of work to
5 be done, Mr. Secretary.

6 SECRETARY MCDONNELL: I will share
7 with you, I've been in some of the plants and
8 also the plants that have been reclaimed, and
9 it is an incredibly important program, which
10 is why we're crafting the regulation this way.

11 REPRESENTATIVE KNOWLES: Thank you,
12 Mr. Secretary.

13 Thank you, Mr. Chairman.

14 CHAIRMAN WENTLING: Take a moment to
15 recognize two members that joined us here,
16 Senator Collett, our newest member of the
17 committee.

18 Do you want to just take a quick
19 moment to introduce yourself?

20 SENATOR COLLETT: Sure. Hi,
21 everyone. I'm Senator Maria Collett. I
22 represent the 12th Senatorial District.
23 That's parts of Montgomery and Bucks counties.
24 I'm happy to be here. Thank you.

25 CHAIRMAN WENTLING: Thank you.

1 And we also were joined by

2 Mr. Owlett.

3 If you want to just take a moment.

4 REPRESENTATIVE OWLETT: I'm
5 Representative Clint Owlett. I serve the 68th
6 District, which is all of Tioga County, part
7 of Bradford County, and part of Potter County.

8 CHAIRMAN WENTLING: Thank you. We
9 will now go to Mr. Struzzi for questions of
10 the secretary.

11 REPRESENTATIVE STRUZZI: Thank you,
12 Mr. Chairman.

13 And thank you, Secretary McDonnell,
14 for being here today.

15 SECRETARY MCDONNELL: Thank you.

16 REPRESENTATIVE STRUZZI: I do want to
17 thank the committee for entertaining my
18 presence here today. I'm not normally on this
19 committee, but I do represent Indiana County,
20 and we have two of the largest coal-fired
21 electric generation plants in Pennsylvania.

22 And I also have introduced House Bill
23 2025, which would require that any
24 implementation of something like RGGI go
25 through the legislature before essentially the

1 order is given to implement.

2 My question is, you know, really
3 concerned with the implementation of something
4 like this and the burden it's going to place
5 on our power plants. As Representative
6 Knowles said, you know, the coal industry has
7 been struggling for many, many years now.
8 It's finally coming back.

9 These power plants, particularly the
10 ones in Indiana County, have already invested
11 millions and millions of dollars to make
12 themselves environmentally compliant. They
13 have reduced their emissions already below
14 required levels.

15 I fail to see how the implementation
16 of anything like RGGI will actually improve
17 air quality. If they're already complying,
18 this is simply going to put additional
19 financial burden on these power plants when
20 they still have to generate the electricity
21 that the entire east coast is using.

22 And you know there will be other
23 experts here today, I know, that will testify,
24 you know, it's going to cause the consumers to
25 pay more money. In some of the other states,

1 I've seen estimates that their electric bills,
2 some of the other RGGI states, that their
3 electric bills have already increased, like,
4 64 percent for the consumers, without really
5 making any significant impact to the
6 environment.

7 I've also seen an estimate that if we
8 eliminated all the emissions in Pennsylvania,
9 carbon emissions, that it would only
10 increase -- or decrease the overall global
11 temperature by one-one thousandths, which, to
12 me, is insignificant when you look at the
13 burden that it's going to place on the small
14 towns in western Pennsylvania that are going
15 to lose jobs.

16 You know, our communities -- we've
17 already heard from the power plants -- and I
18 know some of them are here today. They're
19 going to shut down because they can't afford
20 to pay this tax, when, the way I see it, it
21 really doesn't have an impact on the
22 environment.

23 So, can you tell me how's RGGI
24 actually improve air quality?

25 SECRETARY MCDONNELL: Sure. And a

1 couple things. One, I think the reality of
2 what we see in terms of market forces within a
3 low natural gas price environment is we're
4 seeing closure of many of those plants now. I
5 have seen that over the course of the past
6 several years, of course, Mansfield just being
7 the most recent. So, we are definitely seeing
8 the impacts from a market perspective on that
9 particular industry.

10 Within the context of RGGI, one,
11 carbon is a regulated pollutant at the federal
12 level, which means that gets incorporated into
13 our Air Pollution Control Act as a regulated
14 pollutant. And this will result in a 3
15 percent reduction, again, under the current
16 rules, year over year, of carbon dioxide
17 emissions.

18 I think, structurally, two of the
19 important things to recognize in terms of the
20 program are, one, it is incremental. It's not
21 a switch that gets thrown where there's
22 suddenly a 30, 40, 50 percent reduction
23 required in having those kind of impacts. It
24 is an incremental program. And, two, that
25 we're leveraging market forces, and not just

1 within the state but regionally, in order to
2 mitigate some of the cost limits.

3 REPRESENTATIVE STRUZZI: Thank you.
4 I -- obviously, I have some bias. I'm
5 protecting the people that I represent back in
6 Indiana County. I don't see how that offsets
7 the impact it's going to have on
8 Pennsylvania's economy.

9 But I appreciate your testimony and
10 the opportunity to be here today. So, thank
11 you.

12 CHAIRMAN WENTLING: Okay. Next
13 Mr. McCarter, if you'd like to ask questions
14 of the secretary.

15 REPRESENTATIVE MCCARTER: Thank you
16 very much, Mr. Secretary.

17 Just very quickly, again, in the
18 design to implement RGGI, from what I'm
19 hearing in your testimony is that we are
20 trying to make allowances for those
21 industries, such as the waste coal industry,
22 to be able to continue to do the good work
23 that they actually have been doing in
24 reclamation.

25 In the interest of trying to reduce

1 the amount of emissions that are taking place
2 out of Pennsylvania, that the other states in
3 RGGI, as I understand it, have actually been
4 very successful in reducing the amount of
5 emissions, while not to the degree that surely
6 many of us would like to see, that overall, in
7 a basis, those ten states that have
8 participated so far, with Virginia and
9 Pennsylvania now looking to add into that, it
10 would be -- can I say, it will surely be
11 beneficial, in the long term, not only for
12 reducing the amount of emissions and air
13 pollution, but also for the overall aspect of
14 trying to address climate change as well. And
15 that RGGI, as a model, really, for the
16 country, has become something that other
17 states are also looking at across the country
18 as to how to use a regional basis for making
19 sure that that reduction takes place.

20 Can you comment on that?

21 SECRETARY MCDONNELL: Sure. So, one,
22 agree with the assessment in terms of the
23 impact on emissions. Essentially in
24 Pennsylvania as well as some other
25 communities, we've achieved a 13 percent

1 reduction over the last several years within
2 the state. Those other states have achieved I
3 believe it's over 40 percent reduction. So,
4 it's significant. Climate remains our most
5 significant challenge.

6 In addition to us looking at entrance
7 into RGGI, New Jersey just reentered the
8 program. Virginia is looking at entering into
9 it. And, again, it's a model that has been
10 adopted in other states, in other regions in
11 terms of the trading protocol. So, again,
12 it's something that keeps it within the
13 market, creates a price on things that,
14 frankly -- and I've said this again in other
15 testimony -- that we're paying for now: flood
16 impacts on our roads, in towns; additional
17 cost related to lime disease, surveillance and
18 prevention; additional costs around the West
19 Nile virus program. So, there's impacts that
20 we are seeing costs for today that are
21 currently not priced.

22 REPRESENTATIVE MCCARTER: And also,
23 one of those impacts would also be the
24 transition toward cleaner jobs -- cleaner
25 energy jobs throughout the states that have

1 taken the -- have become part of RGGI as well.
2 And for those jobs, also I think that the
3 need -- and I think it's been expressed here
4 today -- is that we obviously have to look
5 towards a transition, a just transition for
6 any of those communities in the impacts.

7 And I would hope that RGGI would use
8 its money, in a sense, coming back in to help
9 with that as well.

10 SECRETARY MCDONNELL: Absolutely.

11 And one of the big -- as I said, it's
12 two-pronged. So, you have the cap which
13 reduces emissions, and then investment of the
14 revenues, the auction revenues, that come back
15 in. In most other states, those have gone
16 into a lot of energy efficiency, renewable
17 energy kinds of programs. And energy
18 efficiency, and renewable energy in
19 particular, I think, that's not things that
20 we're going to see outsourced. It's things
21 that we do here. It's things that impact our
22 homes, our businesses, help drive down costs,
23 make us more competitive and support an
24 industry.

25 REPRESENTATIVE MCCARTER: Thank you.

1 CHAIRMAN WENTLING: Thank you.

2 Okay. Next, we have Senator Stefano.

3 SENATOR STEFANO: Thank you,

4 Mr. Chairman.

5 Good morning, Secretary.

6 SECRETARY MCDONNELL: Good morning.

7 SENATOR STEFANO: I think it's not a
8 secret that everyone knows I'm not a great fan
9 of this cap-and-trade program. And I didn't
10 realize until today that we consider carbon
11 dioxide a pollutant. So, maybe we should end
12 the hearing quickly, because every time we
13 start talking we're polluting. We might have
14 to consider that. But that's not my question.

15 My question is, in your calculation
16 of RGGI -- as you know, and the science has
17 showed us that an acre of forestland will
18 absorb 2 and a half tons of carbon dioxide.
19 Pennsylvania has 16.9 million acres of
20 forestland. So, quick math will tell you a
21 little over 42 million tons of carbon are
22 absorbed every year.

23 In your calculations in your
24 testimony today and in the past and future,
25 have you calculated the absorption of carbon

1 across Pennsylvania in the calculations?

2 SECRETARY MCDONNELL: Actually, yes.
3 And it's not -- so, RGGI, again, is
4 specifically in the electric sector, although
5 there are some off-setting programs and things
6 that you can do within that context. In our
7 climate change action plan and the assessment
8 that we do there, we take into account
9 electricity, industrial, transportation,
10 agriculture, forestry. Which, of course,
11 forestry shows up as negative emissions within
12 the context of that.

13 So, yes.

14 SENATOR STEFANO: Okay. Because I
15 haven't seen it in the calculations. That's
16 why I asked the question.

17 SECRETARY MCDONNELL: Sure. We can
18 get you a copy of the action plan and
19 assessment that we did so you can see that.

20 SENATOR STEFANO: Thank you.

21 SECRETARY MCDONNELL: Sure.

22 CHAIRMAN WENTLING: Okay. Next we
23 have Senator Sharif.

24 Let's try that again. Go ahead, sir,
25 if you want to do that.

1 SENATOR STREET: That's fine.

2 Secretary McDonnell, wanted to thank
3 you for your work. Clearly, we, many of us,
4 recognize that climate change is an
5 existential threat, but we have to balance
6 that against the economic challenges that many
7 Pennsylvanians face.

8 That being said, I want -- if you
9 could comment on some of the -- I know we've
10 worked with your office, I've worked with your
11 office on developing some ideas around
12 increasing the natural sequestration that my
13 colleague, Senator Stefano, pointed out, as
14 well as geological sequestration.

15 Can you talk a little bit about some
16 of the department's ideas around expanding our
17 forestry for the purposes of carbon
18 sequestration that could balance out some of
19 the impact of our carbon footprint?

20 SECRETARY MCDONNELL: Certainly.
21 Interestingly, I'll say it's -- we talk about
22 it within the context of carbon. But we also
23 talk about it within the context of water
24 quality. You know, forests, trees are a
25 resource that delivers a lot of benefit to the

1 Commonwealth. Some of it economic in terms of
2 timber, but some of the environmental services
3 provided by our forests are critical.

4 So, we've been working in very close
5 partnership, one, with the Department of
6 Conservation and Natural Resources to expand
7 stream-side buffer programs, things like that,
8 which, again, give you that carbon benefit but
9 also lock in the soil, so we're not getting
10 erosion and impacts -- nutrient and sediment
11 impacts to our streams.

12 And then, two, we're working with the
13 geologist branch of DCNR to better understand
14 places where we might be able to do carbon
15 capture, utilization, and storage. That's
16 still nascent in terms of development. It's
17 something that has been talked about for a
18 number of years. In the south, they're using
19 carbon in a variety of ways within industries.
20 We don't have that kind of build-out of
21 infrastructure around that yet, but it's
22 something we want to get ahead of.

23 SENATOR STREET: Thank you for the
24 work in that area. Just wanted to -- I
25 thought it important for members to know that

1 there is talk about expanding both forestry
2 for natural sequestration and also geological
3 sequestration as well.

4 SECRETARY MCDONNELL: Thank you for
5 all your support on that.

6 CHAIRMAN WENTLING: And thank you
7 very much, Senator Street, for your comments.

8 I want to mention to the committee,
9 too, that we are considering -- or to the
10 general public here, we are considering a tour
11 of a cogeneration plant in the future work of
12 this committee.

13 Any other questions for the
14 secretary?

15 Okay. We're going to go ahead and
16 move on. Thank you very much, Mr. Secretary.

17 SECRETARY MCDONNELL: Thank you.

18 I'll put in a plug. Definitely
19 recommend to get out and see one. It's a very
20 different technology than your traditional
21 boilers.

22 CHAIRMAN WENTLING: Thanks. We look
23 forward to it.

24 So, thank you.

25 Our next testifier will be Jaret

1 Gibbons, a former colleague of ours from
2 actually back home in western PA. He is the
3 executive director of ARIPPA, and he is here
4 to introduce himself and speak to us.

5 Thank you.

6 MR. GIBBONS: Thank you,
7 Representative Wentling.

8 Well, on behalf of ARIPPA, I want to
9 thank the JLCC for scheduling this hearing to
10 discuss the coal refuse reclamation-to-energy
11 industry.

12 So, the Appalachian Region
13 Independent Power Producers Association, or
14 ARIPPA for short, is a nonprofit trade
15 association representing the coal refuse and
16 reclamation-to-energy industry in Pennsylvania
17 and West Virginia.

18 ARIPPA's membership is comprised of
19 environmental remediation facilities that
20 utilize circulating fluidized bed, or CFB,
21 boiler technology to convert coal refuse into
22 electricity and use the resulting beneficial
23 use ash to reclaim the polluted coal refuse
24 sites. This is a very unique industry that
25 has helped the state turn an environmental

1 challenge into an economic opportunity.

2 So, in 2016, Econsult Solutions
3 conducted a study of the economic and
4 environmental impacts of Pennsylvania's coal
5 refuse industry.

6 And then in 2019, they expanded upon
7 that previous study to identify factors
8 causing a decline in the industry, and the
9 environmental and economic benefits that the
10 state and federal government, as well as the
11 public, would lose if the industry would
12 disappear.

13 While the full report is available on
14 our website, copies of the executive summary
15 were included in the packets today. And this,
16 the information in there, is going to make up
17 a big focus of my presentation today.

18 So, as we discuss the status of the
19 coal refuse reclamation industry, we cannot
20 forget about the critical role it plays in
21 addressing Pennsylvania's coal mining legacy
22 by remediating coal refuse sites that scar the
23 landscape, pollute our waterways, and
24 constitute a continuing risk to the health and
25 safety of our local communities.

1 The responsibility and costs of
2 addressing the range of environmental and
3 safety hazards associated with these coal
4 refuse piles fall to the current residents of
5 Pennsylvania.

6 The inventory of coal refuse piles
7 that is kept by the DEP Bureau of Abandoned
8 Mine Reclamation identified 772 piles of coal
9 refuse as of last June, scattered across the
10 anthracite and bituminous regions, which are
11 estimated to consist of at least 220 million
12 tons of coal refuse and cover nearly 8300
13 acres.

14 Okay. I guess for you guys it is
15 red. Out here it looks blue.

16 But, the red in the image represents
17 the AML problems in the Commonwealth. AML and
18 coal refuse piles generate a range of
19 environmental and safety hazards that directly
20 affect residents in at least 44 of
21 Pennsylvania's 67 counties.

22 This picture also shows how the coal
23 refuse plants were strategically located in
24 close proximity to the state's coal refuse
25 piles so as to efficiently reclaim these sites

1 with nearby fuel sources.

2 These piles are a major source of
3 land, air, and water pollution. More than
4 just eyesores, coal refuse piles create acidic
5 runoff, meaning that precipitation picks up
6 pollutants that leach into surface and
7 groundwaters, a process known as acid mine
8 drainage, or AMD. Seeps and discharges from
9 coal refuse piles significantly impair nearby
10 streams.

11 At the left is a stream adjacent to
12 the Lucerne Mine coal pile in Indiana County,
13 which cannot support aquatic life and has a pH
14 level of three.

15 At the right is Solomon's Creek,
16 outside Wilkes-Barre, where iron in the water
17 turns the surroundings bright orange.

18 AMD issues have impaired around 5,500
19 miles of waterways across Pennsylvania. As
20 you can see in the third picture, the location
21 of impaired waterways corresponds closely with
22 the locations of the coal refuse piles.

23 Water quality issues related to AMD
24 are national in scope, since impacted streams
25 in all four of Pennsylvania's major river

1 basins, where they are ultimately carried into
2 the Chesapeake Bay, Delaware, Ohio, and
3 Mississippi rivers and the Gulf of Mexico.

4 Coal refuse piles also create major
5 air quality issues for surrounding
6 communities, with fugitive dust and burning
7 piles releasing uncontrolled toxic air
8 emissions into the atmosphere. These fires
9 give rise to substantial air pollution, as
10 seen in the image to the left, from the Loomis
11 Culm Bank in Nanticoke.

12 As of June, there were 45 identified
13 coal refuse pile fires in Pennsylvania, which
14 can burn for decades, if left unaddressed.

15 Fires that can spread must be
16 contained at considerable cost, such as the
17 2014 fire at Simpson Park in Lackawanna
18 County, which required 1.6 million gallons of
19 water daily to contain and was extinguished at
20 a cost to the state of nearly 2.2 million
21 dollars.

22 To date, Pennsylvania's coal refuse
23 industry has removed and burned as fuel more
24 than 225 million tons of coal refuse, improved
25 and restored more than 1200 miles of streams,

1 and reclaimed more than 7200 acres of
2 abandoned mine lands through the use of the
3 beneficial ash that they produce.

4 A 2017 study of the Blacklick Creek
5 watershed in Cambria County by the DEP found
6 that reclamation of five coal refuse sites
7 using CFB ash has greatly diminished the
8 loadings of pollutants into the watershed.
9 The process reclaimed 56 acres of land and
10 restored aquatic life to six miles of the
11 south branch of Blacklick Creek.

12 The southern fork of the creek, which
13 ran through the pile, has now been stocked
14 with trout by a private sportsman group and
15 can be enjoyed for fishing and recreation.

16 The remediation efforts of the
17 industry are the product of a long-standing
18 collaboration with the Commonwealth, which
19 closely monitors these sites.

20 For nearly three decades, these
21 plants have partnered with environmental
22 groups and public sector agencies, including,
23 but not limited to, those listed here, to
24 perform coal refuse pile remediation across
25 the state.

1 addressing these public environmental
2 liabilities.

3 In recent years, market and
4 regulatory forces have rendered energy market
5 revenues insufficient to cover costs for many
6 plants. This dynamic creates an existential
7 crisis for the industry.

8 These forces have already resulted in
9 the closure of multiple plants and threaten
10 the sustainability of environmental and
11 economic benefits that the plants -- that the
12 industry provides.

13 In 2016, Pennsylvania had 14 coal
14 refuse plants spanning the anthracite and
15 bituminous coal regions and supporting the
16 local economies of small communities across
17 eight counties.

18 Four of these plants are currently
19 deactivated, identified in red on this slide
20 here.

21 Plants that have been closed are
22 typically demolished and sold for scrap. As a
23 result, once plants are shuttered, they are
24 unable to return in the future, even if the
25 economics of the industry were to change.

1 Pictured here is the demolition of
2 the Piney Creek Power Plant, which closed in
3 2013. Northeastern Power and Cambria Cogen
4 will likely meet a similar fate sometime later
5 this year.

6 At historic operating levels, the
7 industry would generally remove and consume
8 over 10 million tons of coal refuse and
9 reclaim over 200 acres per year, improving
10 numerous waterways in the process.

11 However, plant closures and idling
12 have led to an overall reduction in the volume
13 of coal refuse consumed by the plants.

14 Thus, in recent years, plants have
15 only consumed between 8 and 9 million tons of
16 coal refuse annually, resulting in a
17 corresponding reductions in economic and
18 environmental benefits.

19 Current conditions in the PJM market
20 serving Pennsylvania does not provide
21 sufficient incentives for most of the ARIPPA
22 plants to operate at full capacity.

23 There are two main revenue streams
24 for power plants: wholesale energy revenue
25 and capacity payment revenue.

1 Basic economics dictate that the
2 revenue received for each megawatt hour of
3 energy must be sufficient to cover the costs
4 of production. However, since mid-2015,
5 wholesale energy prices have usually been
6 below the typical break-even point, as you can
7 see in the slide, required by coal refuse
8 plants simply to cover their cost of
9 production.

10 This is due in large part to
11 renewable subsidies and the abundant
12 availability of low-price natural gas as well
13 as the elevated operating costs for re-mining,
14 limestone trucking, and costly remediation and
15 bonding obligations that are unique to the
16 coal refuse-to-energy industry.

17 It is economically sensible in the
18 short run for some plants to remain in
19 operation in a cycling mode, rather than
20 shutting down, by running only when energy
21 prices are sufficient to cover costs, and
22 idling when costs to operate exceed pricing,
23 so typically operating in the wintertime, when
24 prices tend to be higher.

25 However, without a sustainable model

1 to yield viable returns, plants will
2 ultimately close, eliminating the significant
3 environmental benefits currently delivered by
4 the industry.

5 Meanwhile, capacity payments are set
6 years in advance in order to provide an
7 incentive for investments in plant assets and
8 fixed costs.

9 PJM's capacity market-based residual
10 auction price fell significantly for the
11 current period beginning June 1 of 2019 and
12 will fall even lower for the coming year.

13 This level of payment further erodes
14 the bottom line for the plants and threatens
15 reliability when they are forced to defer
16 needed investment and maintenance.

17 In addition to changes in market
18 conditions, these plants face challenges from
19 new federal and state regulations that
20 increase capital and operating costs for
21 plants.

22 New regulations often account only
23 for the negative environmental externalities
24 of coal refuse plants and not the
25 environmental benefits of their remediation

1 work, subjecting the industry to an
2 asymmetrical regulatory environment.

3 On October 3rd of last year, Governor
4 Wolf signed an Executive Order instructing DEP
5 to join RGGI, a collaboration among states
6 that the secretary talked about. Under RGGI
7 model rule, fossil fuel plants with a capacity
8 of over 25 megawatts are required to hold
9 allowances for their CO₂ emissions.

10 Coal refuse reclamation-to-energy
11 facilities would potentially, under the model
12 rule, be subject to the program. The result
13 would be a significant increase in operating
14 costs of these facilities, with allowances
15 projected to cost as much as 12 dollars per
16 megawatt of energy produced at these
17 facilities.

18 Such an increase, particularly
19 considering the current pricing in the PJM
20 market, would lead to the immediate closure of
21 every one of these facilities, along with the
22 loss of their attendant environmental
23 benefits.

24 However, coal refuse facilities are
25 distinctly different from traditional fossil

1 fuel-fired power plants. The remediation
2 activities of the industry deliver documented
3 benefits to the environment, the Commonwealth,
4 and the public at large relative to the
5 probable alternative of leaving the coal piles
6 unaddressed.

7 These benefits include water quality
8 improvements, public health and safety
9 benefits, and positive air quality impacts.

10 For example, coal refuse pile
11 reclamation by these facilities reduces
12 uncontrolled emissions from burning coal
13 refuse piles and creates carbon sinks by
14 removing coal refuse and restoring vegetation
15 to currently bare and AML sites.

16 While these environmental benefits
17 are substantial in economic terms, they are
18 not captured within the industry's business
19 model. Rather, they are positive
20 externalities that accrue to the general
21 public.

22 These activities yield quantifiable
23 environmental and public use benefits,
24 estimated to total over 9 million dollars in
25 just one year, and growing to nearly 65

1 million dollars by year 20, totaling almost
2 740 million dollars, and averaging 36.9
3 million dollars per year over a 20-year
4 period.

5 Since each state participating in
6 RGGI must enact independent regulations,
7 Pennsylvania has the option to consider the
8 unique environmental nature of coal refuse
9 facilities and account for these positive
10 externalities of the industry in creating its
11 program, particularly since these facilities
12 do not exist in any of the other current RGGI
13 states.

14 The environmental benefits resulting
15 from the reclamation of coal refuse piles
16 should be sufficient to justify some type of
17 exemption, exclusion, or other mechanism to
18 safeguard these facilities from the financial
19 burden that RGGI would place upon them similar
20 to the type of program that is in the proposed
21 rule that the secretary talked about earlier.

22 To achieve the benefits described
23 previously without the industry, the state can
24 alternatively commission the removal of the
25 piles, disposal of the coal refuse, and

1 rehabilitation of the sites. The cost of this
2 effort to the state represents the avoided
3 cost from activity that is, instead,
4 undertaken by the industry.

5 Econsult reviewed bids of recently
6 awarded DEP contract with Rosebud Mining
7 Company for the removal, disposal, and
8 rehabilitation of a 62-acre coal refuse pile
9 in Ehrenfeld, Pennsylvania. Rosebud
10 controlled the disposal costs for this project
11 by relocating the coal refuse to nearby strip
12 mining pits that it owned, limiting
13 transportation and storage costs, while three
14 other bids are likely more reflective of the
15 typical cost the state would incur for
16 disposal.

17 Combined, estimated disposal and
18 removal costs range from 11 dollars per ton in
19 the most ideal situation to around 33 dollars
20 per ton under a more typical condition.
21 Rehabilitation costs represent an additional
22 20 to 23,000 dollars per acre.

23 At these costs, replicating the
24 annual removal of 8 million tons of coal
25 refuse and remediation of about 240 acres as

1 currently generated by the industry each year
2 would cost Pennsylvania between 93 and 267
3 million dollars annually. Addressing all
4 identified piles in the state would cost as
5 much as 7.4 billion dollars.

6 The industry is also a major economic
7 generator and major employer for Pennsylvania,
8 while playing a prominent role in
9 disadvantaged, rural communities across the
10 state's two legacy coal regions.

11 Plants are economic anchors for their
12 host jurisdictions, serving as employment hubs
13 and large components of the local tax base.

14 Direct expenditures by the industry
15 are estimated at 363 million dollars annually,
16 and industry employees earn an average salary
17 of greater than 75,000 dollars per year.

18 The activities of the industry extend
19 well beyond the footprint of the plants
20 themselves, encompassing the full fuel cycle
21 of mining, transportation, energy generation,
22 and environmental reclamation.

23 Including spillover effects, the
24 annual economic impact of the industry is 615
25 million dollars, supporting nearly 3,000 jobs

1 and generating 18 million dollars in state
2 taxes and fees.

3 The coal refuse reclamation-to-energy
4 industry is a unique private-public
5 partnership that allows facilities to generate
6 electricity and, at the same time, restore the
7 environment of the Commonwealth.

8 While converting coal refuse to
9 energy is not currently viable as a
10 market-based means of energy production alone,
11 it remains a valuable and cost effective means
12 of environmental remediation that delivers a
13 strong public return on investment.

14 We need to strengthen our partnership
15 whereby the state and federal government help
16 to manage a portion of our fuel cycle costs in
17 return for saving the taxpayers from bearing
18 the inevitable cost of state-funded
19 remediation efforts to remove these
20 environmentally threatening coal refuse piles.

21 Options include expanding on the
22 state's Coal Refuse Energy and Reclamation Tax
23 Credit or enacting a comparable federal tax
24 credit, requiring purchase power agreements
25 with local utilities and public agencies, as

1 most of these facilities started with back in
2 the '90s, when they were built, but have since
3 expired, or changes to Pennsylvania's
4 Alternative Energy Portfolio Standards, AEPS,
5 such as closing the program to out-of-state
6 Tier II sources, as was done for the solar
7 carve-out in 2017.

8 As the secretary mentioned, we are
9 part of the Tier -- we are a Tier II AEPS
10 source at the moment. However, currently --
11 or I should say, most recently in 2017, we
12 received about 16 cents per megawatt, compared
13 with Tier I price of over 12 dollars per
14 megawatt. So, unfortunately, this amount has
15 just been not sufficient to incentivize these
16 facilities to be able to operate at their full
17 capacity.

18 In conclusion, Pennsylvania's coal
19 refuse reclamation-to-energy industry has
20 served for nearly three decades as a valuable
21 environmental remediation tool for the
22 Commonwealth.

23 The industry is historically the most
24 effective and prolific actor in the
25 remediation of coal refuse piles. But the

1 current economics of the industry are
2 unsustainable, and without some intervention
3 will lead to further plant closures and
4 permanent loss of their public environmental
5 and economic benefits.

6 ARIPPA members want to continue
7 partnering with environmental groups and
8 public sector agencies to promote the values
9 of reclamation and find ways to secure
10 adequate resources of funding to sustain and
11 increase the current levels of AML reclamation
12 activities.

13 If you'll indulge me for about two
14 minutes, I have a few -- I just want to --
15 with this industry, pictures, I think, often
16 speak louder than words. I just want to run
17 you through a few before-and-after pictures,
18 just to show some of what the industry has
19 done.

20 This is a site in Cambria County, the
21 Gallitzen site.

22 Here we have the Ernest site in
23 Indiana County.

24 Here we have the Lucerne site in
25 Indiana County.

1 These are from the Cambria cogen
2 facility that unfortunately just shut down
3 permanently last year.

4 Here we have the Colver Power
5 Project, in Cambria County.

6 This is the Revloc site. This is
7 part of what was studied with the Blacklick
8 Creek and DEP. And this as well is in Cambria
9 County.

10 Here we have the Loomis Bank site in
11 Luzerne County.

12 And this is the Loomis fire that was
13 mentioned earlier, which one of the facilities
14 helped to take out and now has that nice green
15 field there. This is up in Luzerne County, as
16 I mentioned.

17 The Bank A site, in Carbon County.

18 The Beaverdale site, in Cambria
19 County, done by Seward Generation, which is in
20 Indiana County.

21 This is the Seanor site, in
22 Westmoreland County. It was also completed by
23 Seward Generation.

24 This is the outside of the Schuylkill
25 Energy Resources in Shenandoah, PA, up in

1 Schuylkill County, as well as near the
2 Gilberton Power, in Frackville, up also in
3 Schuylkill County.

4 Here's the Kennerdell -- the
5 Armstrong County site, done by Scrubgrass
6 Generating, which is up in Kennerdell, PA,
7 in -- I'm blanking on the county now --
8 Representative James' district, Venango
9 County.

10 And this is the Clearfield County
11 site that they completed.

12 So, just -- I think if you look, just
13 the pictures right there show you how the
14 industry has been able to take some of these
15 sites, which often look like the surface of
16 the moon, and turn them into something that is
17 a usable source, whether it's for open green
18 space or reuse in some type of economic or
19 residential usage.

20 Any question certainly I'll be glad
21 to take.

22 CHAIRMAN WENTLING: Yes. Thank you
23 very much, Mr. Gibbons.

24 And we have a question here from
25 Mr. Cook.

1 REPRESENTATIVE COOK: More of a
2 comment for Mr. Gibbons than anything.

3 Growing up in the Mon Valley, I grew
4 up less than probably a quarter of a mile from
5 a coal pile, and then we have some that look
6 like moonscapes still. I know the industry
7 gets blamed for a lot, but I just wanted to
8 say thank you to the industry for addressing
9 an issue and a problem that they didn't
10 create.

11 We always like to see when we have
12 partnerships, environmentalists, you know,
13 some of our biggest assets in my district are
14 the Mon Valley river itself and the streams
15 that contribute.

16 So, on that note, just thank you, and
17 keep up the good work. We'll try to do what
18 we can do on this end to help assist in that.

19 MR. GIBBONS: Thank you very much.

20 Certainly, we're glad to try to
21 address as many of these issues as we can and
22 hope to continue doing that in the future.

23 CHAIRMAN WENTLING: Okay. Thank you
24 very much again for your testimony.

25 Now, one of your partners here at

1 ARIPPA is Olympus; correct?

2 MR. GIBBONS: Yes.

3 CHAIRMAN WENTLING: Would you like
4 to -- I guess we'll try something a little
5 different. Do you want to introduce Vince
6 perhaps and have Vince come on up and speak?

7 MR. GIBBONS: Vince Brisini
8 represents Olympus Power, and they are one of
9 our board members. They own two of the
10 facilities in the northeast part of the state.
11 And I'll let Vince talk. Vince is far more
12 technical than I am, so he'll be able to give
13 you a far better technical breakdown of the
14 impacts of some of these regulations on the
15 industry.

16 So, look forward -- I've seen Vince's
17 presentation. I think you'll look forward to
18 hearing it. It's some very good, more
19 detailed, technical information.

20 CHAIRMAN WENTLING: Okay. Thank you,
21 Mr. Gibbons.

22 Mr. Brisini. And I hope I got that
23 correct.

24 MR. BRISINI: Yes. Thank you.

25 Good morning. I'm Vince Brisini, the

1 director of Environmental Affairs for Olympus
2 Power. And I'd like to thank the chairman and
3 the committee for allowing me to testify
4 today.

5 Today I'm going to share some
6 insights into the Regional Greenhouse Gas
7 Initiative, known as RGGI, and what we know
8 and don't know about the effects of
9 Pennsylvania joining RGGI or developing and
10 implementing a RGGI-like program.

11 As you will see on the slides I'm
12 using today, they were prepared and submitted
13 prior to the release of the preliminary draft
14 rule on the 30th of January, which includes a
15 set-aside for the coal refuse electric
16 generating units. However, I have adjusted
17 the data in the verbal testimony today to
18 reflect that allowance set-aside for the coal
19 refuse facilities.

20 Why am I not going forward? Did
21 you --

22 CHAIRMAN WENTLING: You're just going
23 to have to beep, when we were in school,
24 slide, beep.

25 MR. BRISINI: Okay.

1 A big difference is that -- okay.

2 Fine. Thank you.

3 While we have the website description
4 of the Regional Greenhouse Gas Initiative, a
5 much more simple way to understand RGGI is
6 that it's a program that imposes costs upon
7 the carbon dioxide emissions from electric
8 generating units to price certain electric
9 generation out of the market to the benefit of
10 other types of electric generation. It's one
11 of a number of efforts that are underway to
12 re-regulate the industry to achieve
13 politically desired outcomes.

14 As others have stated, there are a
15 number of other cap-and-trade programs that
16 are in place that are implemented by
17 regulation, but RGGI is very different than
18 most of those programs.

19 A big difference is that, with rare
20 exception, the affected sources must buy the
21 allowances. Also, there aren't commercially
22 available technologies to capture or store or
23 otherwise manage the captured carbon dioxide,
24 which is not the case for sulfur dioxide and
25 nitrogen oxides, which are the typical

1 pollutants regulated under the cap-and-trade
2 programs.

3 The significant control of carbon
4 dioxide can only be achieved by fuel
5 switching, reduced utilization, or retirement.
6 Consequently, RGGI is unlike those other
7 cap-and-trade programs in that RGGI is
8 designed to increase the price of electricity
9 from the affected units, while the other
10 cap-and-trade programs were designed to
11 control the costs of emission reductions and
12 price of electricity.

13 We do know that, based upon the
14 current RGGI prices for carbon dioxide
15 allowances, that certain electric generators
16 in PJM will be made non-competitive in the PJM
17 market. As you can see on this slide that was
18 developed prior to the release of the draft
19 preliminary rule, the coal refuse-fired plants
20 were the most significantly affected by RGGI.
21 That will be a direct increase to their bid
22 price into the market. So, that artificially
23 makes them more expensive. The coal-fired and
24 certain fuel-fired -- other fuel-fired and
25 other natural gas electric generation will

1 also be artificially challenged to remain
2 economically viable, even at the reduced
3 operating levels.

4 In the case of coal-fired generation,
5 Pennsylvania's participation in RGGI will
6 result in their retirement as quickly as PJM
7 allows them to retire.

8 As you can see on this slide, in
9 most, but not all, cases, the RGGI states and
10 Pennsylvania electric generators reporting to
11 EPA's Clean Air Markets division have reduced
12 carbon dioxide emissions.

13 In the case of New Jersey, they left
14 RGGI and now generate considerably more
15 electricity with a corresponding increase in
16 carbon dioxide emissions. But with New Jersey
17 rejoining RGGI, it's going to be interesting
18 to see if those trends continue.

19 Pennsylvania electric generators,
20 without Pennsylvania participating in RGGI,
21 have reduced carbon dioxide emissions by 33.2
22 percent from 2005 emission levels while, at
23 the same time, maintaining over 30 percent of
24 the electricity generated being exported to
25 other states that no longer generate or never

1 did generate enough electricity for their own
2 state's needs. And that 32 percent reduction
3 surpasses the targets set by Governor Wolf,
4 the Paris accord, and even the vacated Clean
5 Power Plan, well ahead of all of their
6 respective schedules.

7 We know how these carbon dioxide
8 reductions have occurred in Pennsylvania. The
9 reductions are due to the retirement of coal
10 and coal refuse electric generation units and
11 their replacement by natural gas-fired
12 electric generations.

13 What we also know, by looking at the
14 generation and sales data, is that most of the
15 RGGI states now import more electric power on
16 a percentage basis than they did prior to
17 participation in RGGI. And when compared to
18 the previous slide which identified the
19 state-by-state carbon dioxide emissions, those
20 RGGI-participating states that aren't
21 importing more electricity in 2018 than they
22 were in 2008 have carbon dioxide emissions
23 that have either increased above 2005 levels
24 or they have had a reduction that is far less
25 on a percentage basis than the reduction

1 achieved in Pennsylvania without
2 Pennsylvania's participation in RGGI.

3 This is a map of the PJM service
4 territory. The point of this slide is to let
5 you know that Pennsylvania is not an island.

6 We know from the generation and sales
7 data provided on the previous slide that
8 RGGI-participating states that can, will
9 import more electric power from non-RGGI
10 states or areas. Consequently, we really
11 don't know if Pennsylvania's participation in
12 RGGI will actually result in any regional
13 carbon dioxide reductions. That's because the
14 lost Pennsylvania electric generation can be
15 replaced by electric generation in other PJM
16 states not participating in RGGI, and those
17 electric generating plants could be coal or
18 coal refuse or natural gas-fired.

19 Plus, because those other states
20 aren't part of the Ozone Transport Region,
21 there could actually be higher nitrogen oxide
22 emissions and, for other reasons, high sulfur
23 dioxide emissions.

24 We could also be certain that the
25 Pennsylvania electric generation that will be

1 lost due to RGGI participation won't be
2 replaced by renewables. Using land-based,
3 wind-powered electric generation for analysis
4 purposes -- and I use that because it is the
5 most cost effective renewable electric
6 generation at a price between 26 and 54
7 dollars per megawatt hour -- it would take
8 3,300 wind turbines to replace the
9 Pennsylvania electric generation lost due to
10 RGGI participation by Pennsylvania.

11 To put that number into context,
12 according to the Pennsylvania Department of
13 Environmental Protection, there are currently
14 1,300 megawatts of installed wind turbine
15 capacity in Pennsylvania. That amount of
16 installed capacity represents approximately
17 600 to 700 existing wind turbines.

18 The failure of RGGI to achieve mass
19 renewable electric generation installation is
20 demonstrated by the variety of continuing
21 state legislative efforts to mandate more
22 renewable electric generating sources. RGGI
23 simply does not provide that outcome.

24 Recognizing that the Pennsylvania
25 lost generation would be replaced, in the

1 lowest-emitting case, by natural gas-fired
2 generation, the maximum tonnage of carbon
3 dioxide reduction that would occur would be
4 about 19.8 million tons, or 1 percent of the
5 annual carbon dioxide emitted by the electric
6 generators in the United States.

7 This is ignoring all other sources of
8 carbon dioxide which, if considered, would
9 make that amount an even smaller percentage of
10 U.S. greenhouse gas emissions. And remember
11 that this is the maximum amount of carbon
12 dioxide reduction that will occur, because
13 there is a high likelihood that some of the
14 lost generation from Pennsylvania coal-fired
15 power plants that are retired will be replaced
16 by other coal or coal refuse-fired plants
17 located in other PJM states that aren't
18 participating in RGGI.

19 For perspective, it's worth knowing
20 that coal and coal refuse-fired electric
21 generation in the United States together
22 represent about 12 and a half percent of
23 global coal-fired electric generating
24 capacity. That provides some additional
25 insight into how small a 1 percent carbon

1 dioxide reduction from the electric generating
2 sector in the United States really is on a
3 global scale.

4 I've heard presentations that project
5 the Pennsylvania tax revenue that would be
6 received from RGGI participation to be about
7 277 to 315 million dollars per year. I
8 believe that is a gross overestimation. If
9 all the Pennsylvania coal-fired electric
10 generation lost due to participation in RGGI
11 were to be replaced entirely by natural
12 gas-fired electric generation located in
13 Pennsylvania and all of the existing natural
14 gas-fired electric generation, including the
15 coal switched to natural gas and the older
16 natural gas-fired plants with the nearly
17 4-dollars-per-megawatt-hour RGGI price adder,
18 were operated at the same levels that occurred
19 in 2018, then the maximum amount of annual
20 RGGI tax revenue for Pennsylvania would be 267
21 million.

22 However, remember what we know.
23 Those states that can import from states or
24 areas not participating in RGGI will end up
25 doing exactly that, which means the lost

1 generation is unlikely to all be replaced by
2 Pennsylvania-based electric generation.

3 Consequently I believe a more
4 realistic projection for RGGI tax revenue is
5 about 175 to 200 million dollars annually.

6 Also, I have heard people talk about
7 the price of electric being reduced in the
8 RGGI states, so I researched that statement.
9 I found that there were some reductions in the
10 average price of electricity, but there were
11 mostly increases to the residential price of
12 electricity, including in Pennsylvania, which
13 doesn't participate in RGGI.

14 The only RGGI-participating states
15 with reductions in residential prices as well
16 as the average price of electricity are
17 Delaware and Maryland, both of which are in
18 the PJM territory and both of which have
19 increased the amount of electricity they've
20 imported since joining RGGI. That's a really
21 important correlation to understand and
22 appreciate.

23 So, looking at just the coal and coal
24 refuse plants located in Allegheny, Armstrong,
25 Indiana, and Cambria counties, specifically

1 Cheswick, Conemaugh, Homer City, Keystone,
2 Seward, and Ebensburg power plants, they
3 generate a combined 36.1 million megawatt
4 hours of electric power on an annual basis.
5 And because of that electric generation, they
6 represent hubs of employment and purchasing
7 activity within the local and state economies.

8 On an annual basis, direct activity
9 by the four coal-fired plants alone total
10 almost 1.1 billion dollars in operating
11 expenditures, including fuel costs. They
12 employ 622 direct workers and pay 92 million
13 dollars in employee compensation, including
14 wages and benefits.

15 The coal refuse-fired plants provide
16 122 million dollars in annual operating
17 expenditures, employ directly 208 workers, and
18 pay 20 million dollars in employee
19 compensation.

20 The employment and purchasing
21 activities at these facilities generate a
22 significant spillover impact locally and
23 throughout Pennsylvania. Plant operations
24 support local jobs, and those workers, in
25 turn, recirculate or spend a portion of their

1 salaries and wages within the local and state
2 economy.

3 Ongoing operations also require the
4 procurement of various goods and services,
5 which translates into economic opportunities
6 for local and state vendors representing a
7 range of industries.

8 In total, the operations of these
9 four coal-fired electric generating plants
10 produce 2.6 billion dollars in total economic
11 impact within Pennsylvania, supporting 6,617
12 total jobs -- 622 direct and 5,995 indirect --
13 with 475 million dollars in total
14 compensation, including wages and benefits.

15 The two coal refuse-fired plants in
16 the study provide 500 million dollars in total
17 economic impact, support 208 direct and 2,005
18 indirect jobs, with 103 million dollars in
19 total compensation and benefits.

20 Direct and spillover economic
21 activities from plant operations generate
22 income, sales, and business taxes for the
23 Commonwealth of Pennsylvania. Based upon the
24 observed relationship between economic
25 activity and Pennsylvania tax collections, the

1 activities at the coal-fired plants in the
2 study generate estimated combined annual state
3 taxes of 29.5 million dollars.

4 In addition, these plants pay an
5 estimated 4.7 million dollars in environmental
6 taxes and fees to the state from their
7 activities. These tax revenues total 34.2
8 million dollars annually.

9 The coal refuse-fired plants in the
10 study generate estimated combined annual state
11 taxes of 3.2 million dollars, pay an estimated
12 500,000 dollars in environmental taxes and
13 fees to the state from their activities.
14 These tax revenues total 3.7 million dollars
15 annually.

16 Additionally, these electric power
17 plants pay an estimated 2.8 million dollars
18 annually in property taxes to municipalities
19 and school districts. These plants also pay
20 an estimated 1.7 million dollars annually in
21 municipal utility and services fees.
22 Combined, local payments in these two
23 categories total 4.4 million dollars.

24 We know that Pennsylvania joining
25 RGGI will force the early retirement of

1 coal-fire electric generating units in
2 Pennsylvania, will not cause a shift to
3 renewable electric generation in Pennsylvania,
4 will reduce the amount of electricity
5 generated in Pennsylvania and exported by
6 Pennsylvania, will result in lost Pennsylvania
7 coal-fired electric generation being replaced
8 by generation from other non-RGGI PJM states,
9 will result in lost Pennsylvania coal-fired
10 electric generation being replaced by natural
11 gas electric generating units or coal or coal
12 refuse-fired units either inside or outside of
13 Pennsylvania. It will result in companies
14 moving the development of new natural
15 gas-fired generating units to other non-RGGI
16 PJM states.

17 It will not result in carbon dioxide
18 emission reductions that will affect local,
19 regional, or global climates. It will only
20 generate 175 to 200 million dollars per year
21 in tax revenue. It will result in the loss of
22 622 direct jobs and 5,995 indirect jobs in the
23 western Pennsylvania coal region as well as
24 additional job losses in other areas of the
25 Commonwealth. It will result in the loss of

1 2.6 billion dollars of annual economic benefit
2 to Pennsylvania. And it will result in a loss
3 of annual local and state tax revenues and
4 service fees of 38.2 million dollars.

5 Thank you for allowing me to be here
6 today and for the opportunity and present this
7 testimony.

8 CHAIRMAN WENTLING: Thank you,
9 Mr. Brisini.

10 I wanted to note, too, for those that
11 don't know Mr. Brisini, I believe you were a
12 former deputy secretary of DEP yourself; is
13 that correct?

14 MR. BRISINI: I was. I was the
15 deputy secretary for the Office of Waste, Air,
16 Radiation, and Remediation.

17 CHAIRMAN WENTLING: Thank you for
18 including that.

19 Do we have any questions?

20 Mr. Owlet.

21 REPRESENTATIVE OWLET: Thank you for
22 your testimony. I felt that was very helpful
23 for me. I have been paying attention to this
24 somewhat, but that was very helpful.

25 I guess my question is, given your

1 experience serving, you know, as deputy
2 secretary, were you involved in any of the
3 negotiations of this new program and this
4 regulation, invited to the table to talk about
5 it?

6 I know the administration, seems like
7 everybody's left.

8 MR. BRISINI: No, in fact, I wasn't.
9 But what we did when I was there, when the
10 Clean Power Plan, under the Obama
11 administration, identified, we crafted a white
12 paper, a DEP white paper, on that particular
13 issue, identifying what would be, in fact, a
14 lawful way to implement the Clean Power Plan.

15 And that is -- it's very interesting,
16 because the Clean Power Plan invented new
17 things. It invented a thing called state
18 measures. And if Pennsylvania had done a
19 Clean Power Plan, you, the state legislators,
20 would have had to implement new legislation to
21 adopt, implement, and enforce those new state
22 measures. And those state measures could not
23 be included in the state implementation plan,
24 which is the place where all environmental
25 regulations end up.

1 So, clearly, it wasn't a state -- it
2 wasn't a state environmental regulation at
3 that point. So, we had a very different
4 perspective.

5 The Affordable Clean Energy Rule that
6 has been adopted, while people have identified
7 it as a rolling back of the Clean Power Plan,
8 it, in fact, is the appropriate way to
9 regulate carbon dioxide if you're going to
10 regulate it under the Clear Air Act. And if
11 you're going to regulate it under what they
12 call Section 111(d) of the Clean Air Act, you
13 go to the sources affected under that
14 provision and you say, What can they
15 accomplish?

16 The Clean Power Plan, the term you
17 may have heard used was, they were outside the
18 fence. If you do want 111(d), you have to be
19 inside the fence. You have to be regulating
20 the affected sources, not developing state
21 energy policy plans.

22 REPRESENTATIVE OWLETT: I appreciate
23 that.

24 Is there anybody from the department
25 or administration around yet for this? I

1 would just suggest you guys talk to Vince and
2 get together and have a chat about this.

3 I feel like -- the numbers that he
4 presented, you know, if this is accurate
5 information, we have a lot of work to do to
6 get this right so that we can actually help
7 improve the environment and make sure that
8 businesses don't leave and that we can, you
9 know, preserve the good work that they're
10 doing.

11 I think we need to hold off and have
12 a good conversation about it. I think he's
13 got a lot of really great information. So
14 that would be my suggestion.

15 MR. BRISINI: Just so you know and in
16 full disclosure, all of my data either has
17 come from the Energy Information
18 Administration, sales data, total price of
19 electricity generation data. All of those
20 have come from the official review data by the
21 government. My emissions data came from the
22 EPA Clean Air markets division, which is the
23 repository of emissions monitoring data for
24 EPA. Very highly quality assured.

25 Back in my previous life, I was very

1 engaged in the development of Part 75, as they
2 call it, provision in the Clean Air Act. And
3 so it's -- as I used to tell the people in the
4 power plants where I worked, those are the
5 most highly quality assured data associated
6 with any power plant.

7 CHAIRMAN WENTLING: Okay. Thank you,
8 Mr. Brisini.

9 We're going to move to the next, I
10 believe, group of testifiers. Thanks again.

11 We have Heather Smiles, and we have
12 Mike Nerozzi. I'll let them introduce
13 themselves. And thank you very much.

14 Oh, I may note that Mike is a former
15 member -- not a member, a former employee of
16 this committee, which is, I think, nice to
17 note for us here in the committee. So, thank
18 you.

19 MS. SMILES: Good morning, Chairman
20 Wentling and members of the committee. My
21 name is Heather Smiles. And I am the chief of
22 the Division of Environmental Services, which
23 is in the Bureau of Fisheries, the
24 Pennsylvania Fish and Boat Commission.

25 I would like to thank you for the

1 opportunity to participate in today's hearing.

2 The Pennsylvania Fish and Boat
3 Commission is the natural resource management
4 agency tasked with managing the fish,
5 reptiles, amphibians, and aquatic
6 macroinvertebrates that inhabit the 85,000
7 miles of stream and 99,000 acres of lakes
8 found within the Commonwealth. Our mission is
9 to protect, conserve, and enhance these
10 resources as well as to provide fishing and
11 boating opportunities to the public.

12 Every day, we strive to fulfill this
13 mission on behalf of our 3 million anglers and
14 boaters, as well as for the benefit of all
15 Pennsylvanians, as a healthy environment
16 improves everyone's quality of life.

17 One of the simplest methods for
18 determining the health of a stream is to
19 observe the color of its water and substrate.
20 A clear and inviting blue color generally
21 infers a healthy, fishable, and swimmable
22 stream, while uninviting colors, like orange,
23 black, and white, denote degraded water
24 quality. These latter colors are often
25 associated with the impacts of acid mine

1 drainage and are a stark reminder that while
2 coal mining is an important part of our
3 industrial heritage, the unregulated
4 activities of the past have led to legacy
5 impacts on our waterways.

6 From the onset of industrial-scale
7 mining in the late 1800s until the 1970s,
8 Pennsylvania coal mines operated in a largely
9 unregulated environment with respect to mine
10 site restoration and water quality
11 degradation. During this time, operators
12 abandoned over 400 million tons of coal refuse
13 throughout the major mining regions of the
14 Commonwealth.

15 The passing of the federal Surface
16 Mining Control and Reclamation Act of 1977 was
17 the first step in ensuring operators were
18 responsible for reclaiming their mine sites in
19 a manner that restores the landscape and
20 protects water quality. However, legacy
21 impacts to our streams and lakes from coal
22 extraction remain. A short drive north of our
23 state's capital on Interstate 81, through the
24 heart of the anthracite region, reveals
25 massive piles of coal refuse still dotting the

1 landscape. That same phenomenon exists in the
2 bituminous region of western Pennsylvania as
3 well.

4 Acid Mine Drainage, or AMD, is
5 arguably the principal pollutant associated
6 with coal extraction impacting our waterways
7 today. It is responsible for the impairment
8 of 5700 miles of streams in Pennsylvania
9 alone. AMD is caused by the mineral Pyrite,
10 which can be found intermixed with coal and is
11 incidentally extracted during mining
12 operations. When Pyrite is exposed to both
13 oxygen and water, for example when it is
14 disposed of with mining byproducts in refuse
15 piles, it undergoes a chemical reaction that
16 produces acidity and heavy metals such as
17 aluminum, manganese, and iron. These
18 chemicals are highly toxic to aquatic life,
19 and when they find their way to the nearby
20 surface water, it can result in the complete
21 loss of aquatic life.

22 Siltation is another form of
23 pollution that degrades water quality and
24 in-stream habitat. It can originate from coal
25 mine refuse piles, especially those located in

1 the floodplains or on steep slopes adjacent to
2 surface waters. In this case, small material
3 in the coal mine refuse is washed into
4 streams, smothering streambed habitats that
5 support aquatic macroinvertebrates such as
6 mayflies, stoneflies, or caddisflies. These
7 invertebrates are critical components of the
8 aquatic community, by providing food for brook
9 trout, brown trout, smallmouth bass, and
10 walleye, all game species highly prized by
11 anglers.

12 This habitat is also utilized by
13 these same fish species to complete their life
14 cycle. Fish deposit their eggs on or in the
15 stream bottom both haphazardly or in nests,
16 depending on the species. When coal mine
17 refuse is washed into streams, the subsequent
18 siltation can cover and suffocate the eggs,
19 leading to poor hatching rates and ultimately
20 depressing the fish population.

21 Water quality in streams can be
22 severely impacted by coal mine refuse piles.
23 In some cases, streams have been buried
24 beneath the piles, while in others, surface
25 water has infiltrated unconsolidated refuse

1 resulting in subsurface flow. The result in
2 both instances is the same: the elimination
3 of surface water, which is the most critical
4 element of aquatic life.

5 Physical manipulation of stream
6 channels as a result of historical mining
7 activity also greatly alters a stream's
8 ability to function naturally. The placement
9 of coal mine refuse adjacent to a stream
10 raises its bank height and results in a
11 disjointed connection to its floodplain. This
12 has been shown to cause channel incision and
13 bank erosion.

14 Additional historic mining activity
15 like channel dredging, channel straightening,
16 and stream relocations resulted in the
17 creation of artificial stream channels which
18 can be unstable and often lack the habitat
19 variability required to support aquatic life.

20 With proper planning, the removal of
21 abandoned coal mine refuse from stream
22 channels and floodplains may allow for the
23 restoration of natural stream channels well
24 suited for recolonization of aquatic
25 organisms.

1 Projects that focus on removal of
2 abandoned coal mine refuse are critical in
3 returning aquatic life to streams. In the
4 1970s, an operator disposed of coal mine
5 refuse in an area adjacent to the west branch
6 of the Susquehanna River near Watkins, in
7 Cambria County. The pile, which was 18 acres
8 in size, contained 1.3 million tons of coal
9 mine refuse.

10 In addition to a discharge from a
11 nearby abandoned underground mine, the coal
12 mine refuse pile discharged iron, aluminum,
13 acidity, and silt, decimating aquatic life in
14 the river for miles. This was apparent as the
15 commission monitored the fish population in
16 this section of the river in the late 1990s
17 and found little sign of aquatic life, with no
18 evidence of natural reproduction of trout.

19 In 2004, the Department of
20 Environmental Protection, Bureau of Abandoned
21 Mine Reclamation, initiated a remediation
22 project for this Watkins site, and by 2008 had
23 removed all 1.3 million tons of coal mine
24 refuse. The completion of the project
25 resulted in reduced loading rates in the west

1 branch of the Susquehanna River for several
2 chemical constituents regularly associated
3 with AMD, including 1600 pounds of acidity,
4 120 pounds of iron, 245 pounds of aluminum per
5 day.

6 Subsequent monitoring by the
7 commission in 2014 revealed improvements in
8 the health of the fish community as a result
9 of the project.

10 An electrofishing survey captured
11 enough brown trout in the river to be
12 designated a Naturally Reproducing Wild Trout
13 Water, using commission criteria.

14 In 2018, merely a decade after the
15 project was completed, an additional survey
16 indicated this section of the river met the
17 criteria to be listed as a Class A Wild Brown
18 Trout Stream. This is significant, as only 3
19 percent of our flowing waters in the
20 Commonwealth are eligible for this
21 designation.

22 The project demonstrates the
23 resilience of our biological communities and
24 the speed at which they can recover when the
25 resources of water quality degradation, such

1 as coal mine refuse piles, are remediated.

2 These efforts and others like it have
3 spurred many partnerships with NGOs, like
4 Trout, Unlimited; Western Pennsylvania
5 Conservancy; and the West Branch Susquehanna
6 Rescue, as well as local, state, and federal
7 government agencies, including the
8 conservation districts, DEP, and the U.S.
9 Environmental Protection Agency.

10 The commission has partnered with DEP
11 for nearly 30 years to minimize impacts from
12 mining operations. This has been achieved
13 through a joint review of proposed mining
14 projects by DEP engineers and commission
15 biologists to ensure impacts to water quality
16 and commission trust species are avoided,
17 minimized, and mitigated to the greatest
18 extent possible.

19 The commission acknowledges that
20 cogeneration facilities play a significant
21 role in coal mine refuse site remediation, as
22 they generate energy from what was previously
23 considered waste and would otherwise continue
24 to impact water quality. The commission
25 supports efforts to help restore streams

1 impacted by coal mine refuse but recommends
2 that steps are taken to ensure the additional
3 carbon emissions resulting from the use of
4 waste coal do not outweigh the benefits to
5 water quality.

6 Like AMD, climate change is a serious
7 problem faced by natural resource agencies,
8 with potentially damaging effects on iconic
9 species such as the brook trout and the
10 Eastern hellbender, which are two of
11 Pennsylvania's state symbols.

12 While we acknowledge the challenges
13 that come with trying to balance our nation's
14 energy demand with long-term sustainability,
15 the commission seeks policies that will help
16 mitigate both impacts of climate change and
17 AMD on our aquatic resources.

18 Thank you for giving us the
19 opportunity to testify this morning. And we
20 would be happy to answer any questions at this
21 time.

22 CHAIRMAN WENTLING: Thank you very
23 much.

24 Mr. Nerozzi, do you have anything?

25 MR. NEROZZI: No.

1 CHAIRMAN WENTLING: Excellent.

2 Mr. Cook.

3 REPRESENTATIVE COOK: I want to thank
4 you both for being here today.

5 And, again, back in my district,
6 Marianna, Pennsylvania, kind of comes to mind.
7 We have 500 acres of waste coal pile, and the
8 Ten Mile stream goes right through it.

9 What I wanted to do is give you the
10 opportunity to share. I think, going forward,
11 it's important that we get our young people
12 involved in this. And the Fish and Boat
13 Commission has an excellent program where we
14 do Fish in the Classroom.

15 And the story that I want to share
16 is, we went into one of the school districts,
17 they raised trout in the classroom. They then
18 took it to Ten Mile and released it.

19 We do not have a trash problem in
20 that area anymore, because when the students
21 went down there, they did water sampling, soil
22 sampling, and they got to see it firsthand.

23 So, I don't want to take up the time,
24 but if you guys could share how you get the
25 younger people involved in this longer-term

1 program. Whichever one.

2 MR. NEROZZI: Sure. Thank you,
3 Representative. Appreciate it.

4 Trout in the Classroom, certainly a
5 very popular program. That's done in
6 conjunction with Trout, Unlimited, some local
7 school districts.

8 We actually now have started actually
9 in some of the areas where stocking trout
10 afterwards, after the program is complete in
11 the classroom, isn't feasible, we've actually
12 started to even interject catfish in the
13 classroom, which sometimes are a more suitable
14 species in warm water environments.

15 So, in addition to that, I mean we
16 have a lot of different family and youth
17 fishing programs. Certainly, getting youth
18 involved and the families involved in fishing
19 is a priority for the commission.

20 Coming up here, we'll be starting,
21 the beginning of trout season, we have
22 mentored youth fishing days. We're even doing
23 a lot of urban outreach in Philadelphia and
24 soon to be in Reading, trying to target
25 populations and demographics that perhaps

1 haven't had the opportunity to go fishing,
2 haven't necessarily had that experience
3 growing up, and trying to introduce them to
4 the outdoors, to conservation, and to what we
5 do and our mission at the Fish and Boat
6 Commission.

7 REPRESENTATIVE COOK: Serving on the
8 Travel and Tourism Committee, one of the
9 subjects that we always talk about is
10 renewable industry. I don't think a lot of
11 people realize that travel and tourism is a
12 40-billion-dollar industry in the
13 Commonwealth. And by renewing this and
14 getting different things involved, that
15 renewable resource of travel and tourism
16 within the Commonwealth has a grand impact.

17 So, thank you, Mr. Chairman.

18 CHAIRMAN WENTLING: I want to take a
19 moment to recognize Senator Ward, former
20 colleague in the House.

21 If you want to introduce yourself.
22 Thank you.

23 SENATOR WARD: Good morning. I'm
24 Senator Judy Ward, from the 30th District,
25 which includes Blair County, Fulton County,

1 Franklin County, Huntingdon County, and
2 Cumberland County. Thanks.

3 CHAIRMAN WENTLING: Thank you,
4 Senator Ward.

5 Any closing comments or any other
6 questions before we move on to our next
7 presenter?

8 Thank you very much for being here.

9 Thanks, again, for your service to
10 this committee, too, Mike.

11 Okay. Next, we're very honored to
12 have the chairwoman of the Public Utility
13 Commission, and I will allow her to introduce
14 herself.

15 Thank you very much.

16 MS. DUTRIEUILLE: Good morning,
17 Mr. Chairman and members of the Committee.

18 My name is Gladys Brown Dutrieuille,
19 and I am the chair of the Pennsylvania Public
20 Utility Commission.

21 I have with me Matt Wurst, who's on
22 my left, your right, who is the policy manager
23 in my office, to answer any technical
24 questions that I may not be able to answer.

25 You have heard a lot this morning,

1 and I have condensed my testimony. You have
2 the full testimony, but I am condensing it.
3 And I'd like to be able to, in my testimony,
4 address a few things.

5 I will convey a background on
6 cogeneration and combined heat and power in
7 Pennsylvania, summarize actions taken by the
8 commission related to cogeneration and provide
9 some insight on how the cogeneration sector
10 may interact with any prospective
11 cap-and-trade program for greenhouse gases.

12 The American Council for an
13 Energy-Efficient Economy states that CHP is
14 not a technology but an approach for applying
15 technologies intending to harness increased
16 efficiencies through integration of systems.

17 The increased efficiencies offered by
18 CHP and cogeneration manifests benefits,
19 including decreased emissions and improved
20 end-use economics. Further, the distributed
21 nature of some CHP applications offers the
22 prospect of increased energy grid resiliency
23 and future avoided infrastructure costs.

24 CHP is often utilized in industrial
25 processes, resulting in a broad distribution

1 of these technological applications amongst
2 many end-use utility customers. Some
3 industrial end-users are often customers of
4 PUC-regulated entities, distribution companies
5 and natural gas distribution companies.

6 The EPA estimates that there are over
7 4400 CHP facilities nationwide. These
8 facilities include, but are not limited to,
9 hotels, nursing homes, universities,
10 hospitals, prisons, refineries, chemical
11 manufacturers, and wastewater treatment
12 plants. The Department of Energy maintains a
13 database of CHP installation facilities
14 throughout the country. In their database,
15 they list about 166 end-user sites in the
16 Commonwealth, with an overall electric
17 generation capacity of 2,729 megawatts,
18 roughly the equivalent of that of the Peach
19 Bottom Nuclear Generating Station in York
20 County.

21 The proliferation of natural gas
22 supply has manifested changes in the CHP
23 marketplace. This includes the increased
24 development and investment in natural
25 gas-fueled reciprocating engines for

1 distributed CHP systems. According to the
2 DOE, there are approximately 2400
3 reciprocating engine CHP units in the country,
4 including 86 sites that are here in
5 Pennsylvania. This represents the largest
6 single CHP resource technology type, from a
7 site number perspective, in this state.

8 Additionally, the centralized
9 wholesale electricity marketplace has
10 witnessed a similar proliferation of
11 investment and build-out of natural gas-fueled
12 combined-cycle combustion turbines, or
13 combined-cycle gas turbines -- a lot of
14 acronyms, and we call it CCGTs. While these
15 applications are not considered cogeneration,
16 or CHPs, they are based on similar engineering
17 goals of increased efficiencies.

18 Given the myriad of benefits offered
19 by CHP and cogeneration, the commission
20 recently issued a policy statement seeking to
21 advance the development of distributed CHP in
22 the Commonwealth. In this policy statement,
23 we encourage utilities to make CHP an integral
24 part of their Act 129 energy efficiency
25 programs.

1 In 2013, the commission released a
2 study which detailed the amount of
3 cost-effective CHP which was achievable within
4 the commercial and industrial sectors of the
5 Act 129 programs. The study concluded an
6 achievable number of 58.7 megawatts. Several
7 projects have already been supported by the
8 Act 129 funds.

9 An example of this is the Geisinger
10 Danville Hospital cogeneration project. And
11 that project, which cost about 5.3 million in
12 total, utilized about 500,000 from Act 129
13 funds, and now it's estimated to save the
14 hospital over 2 million dollars annually.

15 The design of distribution rates for
16 electricity end-users is a key element in
17 analyzing the cost effectiveness of
18 distributed energy CHP investments. One of
19 the often-contentious components in
20 electricity rate case proceedings is standby
21 rates, and those are the charges levied to
22 ensure reliability in the event a CHP unit
23 does not generate power.

24 The commission has encouraged the
25 utilities to design rates which are fair and

1 accommodative to such resources.

2 The commission created a biennial
3 reporting requirement for electric and natural
4 gas utilities in order to better understand
5 the status of the distributed CHP marketplace
6 within our Commonwealth. In March of last
7 year, we released our first report pursuant to
8 this requirement. The report estimates
9 opportunities for enough CHP in the
10 Commonwealth to power about 41,000 homes.

11 Further, the Commonwealth's
12 Alternative Energy Portfolio Standards Act
13 supports cogeneration. The AEPS includes
14 demand-side management technologies like CHP
15 as a Tier II resource. The Tier II resource
16 classification, under the AEPS, also includes
17 waste coal, and you've heard a lot about that.

18 In the 2019 energy year, Tier II AEPS
19 credits sold for an average of 31 cents per
20 megawatt hour. The overall cost of all
21 Pennsylvania waste coal generator retired Tier
22 II credits for that same period was 1.7
23 million.

24 Waste coal generators burns residual
25 coal, which often contains rock and other

1 debris left in piles scattered throughout
2 Pennsylvania's coal regions. And we have seen
3 a lot of that today. These plants provide
4 environmental benefits by clearing areas of
5 waste coal piles, in turn helping to improve
6 the water quality of area streams.

7 Some of these waste coal plants are
8 cogeneration plants, and an example of that is
9 the Ebensburg Power Company, whose steam heat
10 supplies heating to the Ebensburg Center,
11 which is a health care facility in that area.

12 Given the low-heat content of the
13 coal of these piles, the application of waste
14 coal for electricity can still result in
15 relatively high pollution emission rates when
16 compared with traditional coal and natural gas
17 combustion. To no surprise, the Commonwealth,
18 with its storied coal history, has the largest
19 number of waste coal electric generation
20 facilities in the country. At present,
21 Pennsylvania has a dozen waste coal plants,
22 with an average capacity of approximately 124
23 megawatts per plant and a total capacity of
24 1,494 megawatts.

25 So, understanding this, it is logical

1 to ask how this landscape may be affected by
2 the governor's executive order regarding RGGI.

3 The commission first wishes to note
4 that it is not advocating for or against the
5 Commonwealth's pursuit to join RGGI. As an
6 economic, safety, and quality of service
7 regulator, and not the environmental
8 regulator, we intend to facilitate the
9 objective conveyance of any data, information,
10 or insight which may be sought by DEP, the
11 general assembly, and the governor as the RGGI
12 rule-making proceeds.

13 With that understanding, the
14 commission submits that there appears to be
15 three important components when attempting to
16 determine the effect, if any, joining RGGI
17 will have on cogeneration. So these three
18 components are, the first one being the
19 exemption characteristics for resource
20 participation, also the aggregate carbon
21 emissions cap, and, last, the use of funds
22 manifested from RGGI carbon allowances.

23 So, for the purpose of objectivity,
24 using the RGGI model rule is likely the best
25 starting point. So, the model rule sets an

1 exemption for any resource with the capacity
2 of 25 megawatts or less. Under this design,
3 the vast majority of distributed
4 behind-the-meter CHP would not be subject to
5 RGGI. Conversely, essentially all of the
6 centralized electric generation units, such as
7 the CCGTs, and all waste coal facilities,
8 along with a small number of large distributed
9 CHP units would be subject to RGGI.

10 To that end, these facilities would
11 likely realize an increased cost necessary for
12 compliance with the RGGI carbon allowance cap.
13 While the blanket 25 megawatt exemption is
14 part of the model rule, a state may seek to
15 design different exemptions. Any such design
16 may have a material effect on the cogeneration
17 landscape, depending exactly on how it
18 increases or decreases resource participation
19 in RGGI.

20 So, you know that my testimony was
21 provided to you before the DEP came out with
22 their draft regulation or rule on RGGI. So,
23 they've addressed some of these concerns in
24 terms of how they're going to pursue moving
25 forward.

1 The degree of cost for resources
2 included in RGGI on a per-unit basis, such as
3 a per-megawatt hour, is a direct result of the
4 carbon intensity of the resource and the RGGI
5 cap allowance -- RGGI carbon allowance cap.
6 Utilizing data from RGGI and the EPA shows
7 that the increased cost of operations for
8 combined-cycle gas turbines, CCGT, in
9 Pennsylvania will have approximately 2 to 3
10 dollars per megawatt hour in 2019.

11 At this time, the commission is not
12 able to comment on any ramifications increased
13 cost may have on applicable cogeneration
14 resources. We are only able to acknowledge
15 their existence, and I state that again in
16 terms of how the rule may have been delivered
17 by DEP or at least a draft of it.

18 Lastly, the utilization of proceeds
19 from RGGI allowance auctions is an important
20 variable to consider. Participating RGGI
21 states may use these proceeds to support their
22 own policy initiatives. To that end, states
23 may support CHP or cogeneration with these
24 funds.

25 In such a case, any efforts or

1 effects on these resources which are the
2 result of RGGI market participation may be
3 minimized through the allocation of RGGI
4 revenues.

5 So, in closing, the commission hopes
6 that this testimony helps to facilitate a
7 better understanding of cogeneration in
8 Pennsylvania, particularly those that are 25
9 megawatts or less. We emphasize our role as
10 economic, safety, and quality of service
11 regulator and how this role does not cover
12 environmental regulation. Nonetheless,
13 though, we are fully cognizant of the nexus
14 that environmental regulations have with the
15 commission's jurisdiction.

16 And at this time, I am ready to
17 answer any questions that you may have of me.

18 CHAIRMAN WENTLING: You did such an
19 excellent job, I polled the members and they
20 don't have any currently. I wouldn't take
21 that necessarily as a bad thing. You did an
22 excellent job.

23 Just a moment. We'll double-check.

24 There are currently no questions. If
25 you have any additional comments or --

1 MS. DUTRIEUILLE: No.

2 CHAIRMAN WENTLING: We really
3 appreciate your testimony. It's a real honor,
4 again, to have the chair of the PUC here. We
5 had the secretary of DEP here earlier, as we
6 know, and the former deputy secretary.

7 So, it's just been such a great thing
8 to have all of our testifiers today. So,
9 we're going to start wrapping up here.

10 I had a couple more comments. First
11 and foremost, I did mention that we're
12 considering taking a tour of a cogeneration
13 plant. Also, we are looking at additional
14 hearings on this specific topic.

15 I did want to mention that we do have
16 a newsletter that comes out monthly, and it
17 just recently was updated, kind of a new
18 format. There's actually a listing of the
19 current proposed -- current legislation moving
20 forward, anything related to legislation with
21 the general assembly, Senate and House, and
22 always there's excellent articles in here
23 related to everything dealing with the
24 committee. And there's also a new listing of
25 all the members here, for everyone to know who

1 our folks are.

2 I also want to take a moment to
3 recognize, Coleen got to speak earlier, of
4 course Tony's up here with us. Sakura Ung is
5 here, and she's been very helpful in putting
6 this all together, and also with Denise
7 Plummer in the back. She's kind of manning
8 the door. And thank you very much, Denise and
9 Sakura, for helping us.

10 Anyone else have comments for the
11 good of the group?

12 So, looks like we're right on time
13 here. We really, really appreciate your
14 testimony. Thank you very much and look
15 forward to working with all of you in the
16 future.

17 Thank you.

18 (Whereupon, the hearing concluded at
19 10:57 a.m.)

20

21

* * * * *

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

REPORTER'S CERTIFICATE

I HEREBY CERTIFY that I was present upon the hearing of the above-entitled matter and there reported stenographically the proceedings had and the testimony produced; and I further certify that the foregoing is a true and correct transcript, to the best of my ability, of my said stenographic notes.

BRENDA J. PARDUN, RPR
Court Reporter
Notary Public